

1 UNITED STATES PATENT AND TRADEMARK OFFICE

2 BEFORE THE PATENT TRIAL AND APPEAL BOARD

3 Case IPR 2015-00698

4 Patent 8,092,345

5 UNDER ARMOUR, INC.

6 Petitioner,

7 vs.

8 ADIDAS AG,

9 Patent Owner.

10 _____/

11

12 The oral deposition of JOSEPH PARADISO,
13 PH.D., was held on Tuesday, November 10, 2015,
14 commencing at 9:13 a.m., at the law offices of
15 Kilpatrick Townsend & Stockton LLP, 607 Fourteenth
16 Street, Northwest, Suite 900, Washington, D.C.,
17 before Susan Ashe, RMR, CRR, Notary Public

18

19

20

21

22

23

24

25 REPORTED BY: Susan Ashe, RMR, CRR

**UNDER ARMOUR, INC. vs. ADIDAS AG
Joseph Paradiso, Ph.D. (345 patent) on 11/10/2015**

Pages 2..5

<p align="right">Page 2</p> <p>1 APPEARANCES:</p> <p>2 ON BEHALF OF THE PETITIONER AND THE WITNESS:</p> <p>3 W. SUTTON ANSLEY, ESQUIRE</p> <p>4 Weil, Gotshal & Manges LLP</p> <p>5 1300 Eye Street, Northwest</p> <p>6 Suite 900</p> <p>7 Washington, D.C. 20005-3314</p> <p>8</p> <p>9 ON BEHALF OF THE PATENT OWNER:</p> <p>10 MATIAS FERRARIO, ESQUIRE</p> <p>11 Kilpatrick Townsend & Stockton LLP</p> <p>12 1001 West Fourth Street</p> <p>13 Winston-Salem, North Carolina 27101</p> <p>14 (336) 607-7309</p> <p>15 - and -</p> <p>16 JONATHAN D. OLINGER, ESQUIRE</p> <p>17 Kilpatrick Townsend & Stockton LLP</p> <p>18 1100 Peachtree Street, Northeast</p> <p>19 Suite 2800</p> <p>20 Atlanta, Georgia 30309</p> <p>21 (404) 815-6381</p> <p>22</p> <p>23</p> <p>24</p> <p>25</p>	<p align="right">Page 4</p> <p align="center">P R O C E E D I N G S</p> <p>1 Whereupon,</p> <p>2</p> <p>3 JOSEPH PARADISO, PH.D.,</p> <p>4 the Witness, called for examination, having been</p> <p>5 first duly sworn according to law, was examined and</p> <p>6 testified as follows:</p> <p>7 EXAMINATION</p> <p>8 BY MR. OLINGER:</p> <p>9 Q. All right. So good morning, Dr. Paradiso.</p> <p>10 A. Good morning.</p> <p>11 Q. How are you today?</p> <p>12 A. I'm not bad. How are you?</p> <p>13 Q. Good. Thank you.</p> <p>14 Can you go on and state and spell your</p> <p>15 name for the record, please.</p> <p>16 A. Sure, P-a-r-a-d-i-s-o.</p> <p>17 Q. Excellent.</p> <p>18 And have you ever been deposed before?</p> <p>19 A. No.</p> <p>20 Q. You've not. Have you ever served as an</p> <p>21 expert witness before?</p> <p>22 A. I've written declarations.</p> <p>23 Q. Okay. How many times?</p> <p>24 A. It's in my CV or the documents I sent you.</p> <p>25 On the order of probably four times, five</p>
<p align="right">Page 3</p> <p align="center">I N D E X</p> <p>1 Deposition of JOSEPH PARADISO, PH.D.</p> <p>2 November 10, 2015</p> <p>3</p> <p>4</p> <p>5 Examination By: Page</p> <p>6 Mr. Olinger 4</p> <p>7 Mr. Ansley 39</p> <p>8</p> <p>9 Exhibit No. Presented</p> <p>10 Exhibit 1003 Declaration of 6</p> <p>11 Joseph A. Paradiso, Ph.D.</p> <p>12 Exhibit 1004 U.S. Patent 6,513,532 27</p> <p>13 Exhibit 1005 U.S. Patent 6,321,158 34</p> <p>14</p> <p>15</p> <p>16</p> <p>17</p> <p>18</p> <p>19</p> <p>20</p> <p>21</p> <p>22</p> <p>23</p> <p>24</p> <p>25</p>	<p align="right">Page 5</p> <p>1 times.</p> <p>2 Q. Okay. But you never testified about any</p> <p>3 of the statements written in those declarations?</p> <p>4 A. No, never.</p> <p>5 Q. Okay. So I'm going to give you a few</p> <p>6 instructions about the deposition today, just so</p> <p>7 that we can all be on the same page.</p> <p>8 And since this is your first time, I'll go</p> <p>9 through them in a bit more detail --</p> <p>10 A. Thank you.</p> <p>11 Q. -- than I otherwise would.</p> <p>12 The basic setup is that I will ask you a</p> <p>13 question and you must provide an answer, unless</p> <p>14 instructed by your attorney not to do so.</p> <p>15 A. Yes.</p> <p>16 Q. I will assume you understand my questions,</p> <p>17 unless you ask for clarification.</p> <p>18 A. Sure.</p> <p>19 Q. So if there is something about my question</p> <p>20 that you don't understand, please let me know.</p> <p>21 A. Yes.</p> <p>22 Q. So the court reporter can provide an</p> <p>23 accurate transcript, please answer audibly. Don't</p> <p>24 shake or nod your head.</p> <p>25 A. Sure.</p>

www.huseby.com

Huseby, Inc. Regional Centers
Charlotte ~ Atlanta ~ Washington, DC ~ New York ~ Houston ~ San Francisco

800-333-2082



Find authenticated court documents without watermarks at docketalarm.com.

UNDER ARMOUR, INC. vs. ADIDAS AG
Joseph Paradiso, Ph.D. (345 patent) on 11/10/2015

Pages 6..9

Page 6	Page 8
<p>1 Q. Also, please let me finish my questions 2 before you answer. 3 And I will also give you the same courtesy 4 of allowing you to finish your answer before I ask 5 the next question. 6 That way the court reporter can get a 7 clean transcript. 8 A. Thank you. 9 Q. Does that all sound acceptable to you? 10 A. That certainly does. 11 Q. Excellent. 12 So we are here this morning to discuss 13 IPR 201500698, which relates to U.S. Patent 14 8,092,345. 15 A. Yes. 16 Q. And you have offered an opinion on the 17 validity of the '345 patent. Correct? 18 A. Yes, I have. 19 MR. OLINGER: Okay. And we can go 20 and I'll hand you your declaration, which has 21 previously been marked as UA 1003. 22 Since it's already marked, we're not 23 going to give it a new exhibit number for this 24 matter. 25 (Whereupon, UA Exhibit 1003 was presented</p>	<p>1 A lot of the concentration of my work has 2 been around wearable wireless sensing and sensor 3 networks. 4 We've done lots of systems for dancers, 5 for athletes, lots of wearable user interfaces. 6 And our work now is concentrating about 7 how people connect to the electronic nervous system 8 that surrounds them, much of which use is also 9 wearable electronics. 10 Q. Okay. And when you say "wearable wireless 11 sensing," what do you mean by "sensing"? 12 A. "Sensing" means you transduce some 13 physical parameter. 14 So it could be an accelerometer, for 15 example, that measures motion of the human in 16 different ways. 17 It could be a microphone to either 18 digitized audio or plug-audio features. 19 It could be a photo sensor to look at 20 light -- light qualities, light characteristics. 21 It could be a proximity sensor to measure 22 how close something is. 23 Or it could be a biometric sensor to 24 measure, you know, heart rate, GSR, things of that 25 sort.</p>
Page 7	Page 9
<p>1 to the witness.) 2 Q. And this is the report -- or the 3 declaration you have submitted in which you opine on 4 the validity of the '345 patent. Correct? 5 MR. OLINGER: Did you get his answer? 6 COURT REPORTER: He said, "Hmpf." 7 Q. Okay. Just as a reminder, please make 8 sure you give an audible response. 9 A. Yeah. It was just a grunt. 10 Q. Understood. 11 A. I'll take a quick look. 12 Q. Understood. 13 A. You actually transcribed that. 14 (Witness perusing.) 15 A. Yeah, it appears to be. 16 Q. Excellent. What is your place of current 17 employment? 18 A. I'm at the MIT Media Lab. 19 Q. How long have you been employed at MIT 20 Media Lab? 21 A. I have been there since 1994. And I was a 22 visitor in 1993. 23 Q. Okay. And what does your work at the 24 media lab involve? 25 A. It's involved many things.</p>	<p>1 It could be a camera to pull features out 2 from images. 3 There are many, many kinds of sensors -- 4 many kinds that we've used. 5 Q. Okay. And you've stated that your work at 6 the media lab is primarily focused on this wearable 7 wireless sensing technology? 8 A. That's the enabling technology that we 9 use, one of the major enabling -- we use many 10 technologies, but my work is known for that -- 11 COURT REPORTER: Pardon me, sir. But 12 you have to slow down so I understand what you're 13 saying. 14 THE WITNESS: Sorry. Sometimes I 15 don't realize how fast I speak. 16 A. It's one of the enabling technologies that 17 we use to push into a myriad of different 18 applications, from sports monitoring.... 19 We did a project with the Boston Red Sox, 20 actually -- it went on for many years -- to monitor 21 athletic performance and extract biometric 22 parameters from pitchers and batters; dancers with 23 sensors on their shoes to control music; wearable 24 gait analysis. 25 We did the first real wireless sensor</p>

www.huseby.com

Huseby, Inc. Regional Centers

800-333-2082

Charlotte ~ Atlanta ~ Washington, DC ~ New York ~ Houston ~ San Francisco



Page 10	Page 12
<p>1 node, as far as I know, for wearable gait analysis. 2 One of them worked for IEEE MV for that. 3 We do a lot of work now with lighting 4 control, building systems, wearables, to regulate 5 temperature. So we can look at the sensor comfort. 6 And this is all, you know, sensing -- on 7 the body, sensing the infrastructure -- both. 8 And then you combine that in different 9 ways through processing. And you actuate or you 10 analyze the data. 11 Q. Okay. Are you familiar with the global 12 positioning system? 13 A. Yes, I am. 14 Q. And do you know when that was first 15 invented? 16 A. That's a good question. 17 It was invented, oh, decades ago -- 18 probably '80s or '70s -- I don't know exactly 19 when -- and then implemented over the next years. 20 Q. And do you know who invented the GPS 21 system? 22 A. I couldn't tell you. 23 Q. Okay. Can you describe to me how GPS 24 works? 25 A. The GPS satellites emit a radio signal.</p>	<p>1 embedded into different kinds of applications. 2 Q. So you said that you did some work at the 3 Draper Lab? 4 A. Yes, I did. 5 Q. When did you work at the Draper Lab? 6 And can you tell me what the Draper Lab 7 is? 8 MR. ANSLEY: Objection; form. 9 Q. Can you tell me what the Draper Lab is? 10 A. Okay. Draper Lab is a spinoff of MIT. It 11 used to be the MIT's Instrumentation Lab. 12 And they're probably the laboratory most 13 famous in the world for inertial guidance systems. 14 It was started by Dr. Draper himself -- 15 legend and inertia guy. And he's the guy who flew 16 blindfolded from -- you know, it was Boston to L.A. 17 -- you know, showing that you really can use 18 navigation systems, inertial navigation systems. 19 And we developed, essentially, the 20 inertial navigation system for every major U.S. 21 strategic missile -- not local strategic missile -- 22 for the West. 23 And the most famous part of Draper 24 probably is Apollo. We did the guidance for Apollo. 25 Q. Okay. So when you say "we," is that you</p>
Page 11	Page 13
<p>1 And they know their time. They all have atomic 2 clocks. 3 And you look at the differential -- time 4 of arrival of the sequencing differential phase 5 coming in at the receiver. 6 And then knowing the positions of the 7 satellites, you can work out where you are. 8 Q. Prior to February 2001, had you developed 9 or worked on any systems that use GPS technologies? 10 A. When I was at Draper Lab -- 11 MR. ANSLEY: Objection; relevance. 12 Go ahead. 13 A. When I was at Draper Lab, I worked on the 14 intelligence -- sonobuoy, which is a system where we 15 had GPS on -- imaging sonobuoy that would be 16 floating out in the ocean to image things that would 17 go by it. So GPS is how we tracked it. 18 I was working in a group then. It was 19 actually very well known for GPS, really pushing the 20 fringe of GPS. It was the Draper's sensors group. 21 When I was at the media lab, a lot of our 22 sponsors were using GPS. Trimble, for example, 23 sponsored it -- that many of us worked with. 24 So we were quite aware of the capabilities 25 of GPS and how it was -- increasingly, it would be</p>	<p>1 directly or is that the work of the Draper Lab? 2 A. I did not do the guidance for Apollo. I'm 3 not old enough -- maybe I touch the edge. 4 I did a lot of work, though, on spacecraft 5 control for the shuttle and for space station. 6 I was their momentum management expert for 7 a while, looking at controlling altitude in 8 spacecraft. 9 And then I went to work with the sensors 10 group. We did a lot of sonar and things of that 11 sort. 12 Q. And when did you work for the Draper Lab? 13 A. I was there as a student. That's where I 14 learned a lot of programming, actually. 15 I went there as a systems programmer in 16 1974. And then I finished -- when I went to grad 17 school at MIT -- in '77. 18 They brought me back as a consultant in 19 '78 for a lot of realtime software I developed from 20 the MX guiding system for testing it. 21 And then I went back to join the NASA 22 group in -- oh, that was 1983, I believe. And I 23 stayed until I went to the media lab in '94. 24 Q. Okay. And through your work with the 25 Draper Lab, did you personally work on any GPS</p>

Page 14	Page 16
<p>1 technologies? 2 A. It depends on what you mean by "GPS 3 technologies." 4 Q. Did you use or implement any GPS 5 receivers? 6 A. I did not directly implement GPS 7 receivers. 8 I worked on devices that did use them. So 9 I was aware of their capability. 10 But I did not design the GPS receiver or 11 integrate the GPS receiver at that time. 12 Q. And did you do so while you were employed 13 with the MIT Media Lab? 14 MR. ANSLEY: Objection; relevance. 15 A. At the MIT Media Lab, we have been around 16 GPS a lot with -- in the early days, with Trimble, 17 as I mentioned, and companies that were there. 18 I've worked a lot with phone companies too 19 that have looked in treating situation awareness -- 20 or situational awareness, GPS locational 21 awareness -- into their devices. 22 I've worked a lot with Nokia, consulted 23 with them, spent time at their labs. And they were 24 very involved with the capabilities of GPS in their 25 devices.</p>	<p>1 A. Yes. 2 Q. In this paragraph you state that it is 3 your opinion a person of ordinary skill in the art 4 would have through training or experience an 5 understanding of basic analog and digital circuits, 6 microcontrollers, signaling, sensing, and embedded 7 software. 8 A. Um-hum. 9 Q. What do you mean by "embedded software"? 10 A. "Embedded software" is software that runs 11 typically on a microcontroller or a computer away 12 from something like a mainframe or a personal 13 computer or a server, any of these things. 14 It runs physically in a device. 15 Q. Okay. And you also state in paragraph 11 16 that a person would have at least a bachelor's 17 degree in electrical engineering, computer 18 engineering, or computer science, and three or more 19 years of practical experience with sensing, 20 signaling, and embedded and/or mobile systems or the 21 equivalent. Correct? 22 A. Yes. 23 Q. Now, in paragraph 12 you say: A person of 24 ordinary skill would have a bachelor's degree in 25 electrical engineering or computer science and at</p>
Page 15	Page 17
<p>1 Also, Microsoft -- I've served on advisory 2 boards for mobility for them. And part of what we 3 discussed was the applications you could build on 4 top of GPS in these systems. 5 Q. Have you ever directly worked on a device 6 that integrated a GPS receiver? 7 A. In my lab now, there is a device that we 8 have. 9 It's the beginning of what we call 10 "wearable sensory prosthetic." 11 Q. Let me rephrase my question. 12 Prior to 2001 had you ever worked on a 13 device that directly implemented a GPS receiver? 14 A. Not myself. 15 MR. ANSLEY: Objection; relevance. 16 Q. So if you wouldn't mind turning to pages 5 17 and 6 of your report. 18 A. Sure. 19 Q. And across paragraphs 10, 11, and 12 of 20 your report, you provide opinions about the person 21 of ordinary skill of a relevant field, of a relevant 22 time frame. Correct? 23 A. Yes, I do. 24 Q. So first I'd like to turn you to paragraph 25 11.</p>	<p>1 least two years' industry experience or the 2 equivalent. 3 A. Um-hum. 4 Q. Why, from paragraph 11 to 12, have you 5 dropped the experience in -- or the degree in 6 computer engineering here? 7 A. Let me read. 8 (Witness perusing.) 9 A. Are you commenting on the word "at least" 10 on paragraph 11, because I do mention the degree. 11 Q. But I'm -- 12 A. So it is included by "at least." 13 I don't think I've dropped the degree -- 14 have I? 15 Q. Well, in paragraph 11 you say: A person 16 of ordinary skill would have at least a bachelor's 17 degree in electrical engineering, computer 18 engineering, or computer science. 19 A. Sure. 20 Q. Yet in paragraph 12, you now say that a 21 person would have a bachelor's degree in electrical 22 engineering or computer science, but not computer 23 engineering. 24 I'm not sure I understand the discrepancy 25 between those two paragraphs.</p>

Explore Litigation Insights

Docket Alarm provides insights to develop a more informed litigation strategy and the peace of mind of knowing you're on top of things.

Real-Time Litigation Alerts



Keep your litigation team up-to-date with **real-time alerts** and advanced team management tools built for the enterprise, all while greatly reducing PACER spend.

Our comprehensive service means we can handle Federal, State, and Administrative courts across the country.

Advanced Docket Research



With over 230 million records, Docket Alarm's cloud-native docket research platform finds what other services can't. Coverage includes Federal, State, plus PTAB, TTAB, ITC and NLRB decisions, all in one place.

Identify arguments that have been successful in the past with full text, pinpoint searching. Link to case law cited within any court document via Fastcase.

Analytics At Your Fingertips



Learn what happened the last time a particular judge, opposing counsel or company faced cases similar to yours.

Advanced out-of-the-box PTAB and TTAB analytics are always at your fingertips.

API

Docket Alarm offers a powerful API (application programming interface) to developers that want to integrate case filings into their apps.

LAW FIRMS

Build custom dashboards for your attorneys and clients with live data direct from the court.

Automate many repetitive legal tasks like conflict checks, document management, and marketing.

FINANCIAL INSTITUTIONS

Litigation and bankruptcy checks for companies and debtors.

E-DISCOVERY AND LEGAL VENDORS

Sync your system to PACER to automate legal marketing.