

UNITED STATES PATENT AND TRADEMARK OFFICE

BEFORE THE PATENT TRIAL AND APPEAL BOARD

SK HYNIX INC., SK HYNIX AMERICAN INC.,
SK HYNIX MEMORY SOLUTIONS INC.,
Petitioner,

v.

NETLIST, INC.,
Patent Owner.

Case IPR2017-00577
Patent 8,516,185 B2

Record of Oral Hearing
Held: April 6, 2018

Before BRYAN F. MOORE, MATTHEW R. CLEMENTS, and SHEILA F. McSHANE,
Administrative Patent Judges.

Case IPR2017-00577
Patent 8,516,185 B2

APPEARANCES:

ON BEHALF OF THE PETITIONER:

JOSEPH MICALLEF, ESQUIRE
STEVEN S. BAIK, ESQUIRE
WONJOO SUH, ESQUIRE
Sidley & Austin, LLP
1501 K Street NW
Washington, D.C. 20005

ON BEHALF OF THE PATENT OWNER:

MEHRAN ARJOMAND, ESQUIRE
DAVID S. KIM, ESQUIRE
Morrison & Foerster, LLP
707 Wilshire Boulevard
Los Angeles, California 90017-3543

The above-entitled matter came on for hearing on Friday, April 6, 2018, commencing at 3:30 p.m., at the U.S. Patent and Trademark Office, 600 Dulany Street, Alexandria, Virginia.

PROCEEDINGS

- - - - -

JUDGE MOORE: Okay. We're at the second, I guess you would say, oral hearing of the day; this specifically for IPR2017-00577. Just to remind the parties, because we have new counsel here, that we have judges that are remote. They're not able to see the Elmo and the courtroom in the way that we are here; so be sure that you do indicate what page in the demonstratives you're on, and also if you're pointing to a figure, just be clear that your description is full in words, and don't rely on people being able to see the figure, necessarily, to understand your descriptions.

And for the new counsel, with me here are Judges Clements and McShane; so we should have a rollover starting with the Petitioner.

MR. MICALLEF: Thank you, Your Honor -- Joe Micallef for the Petitioner. With me is my partner Steve Baik and my colleagues Wonjoo Suh, and Mr. Pazmandi.

JUDGE MOORE: Thank you; for Patent Owner.

MR. ARJOMAND: Good afternoon, Your Honors --. Mehran Arjomand of Morrison & Foerster for, Patent Owner, Netlist. With me is my colleague, David Kim.

JUDGE MOORE: Thank you; whenever you're ready.

MR. MICALLEF[CM1]: Thank you, Your Honor. This is the 577 proceeding, it relates to the 185 Patent. We have a number slides; I do not intend to use them all, but I will go through some of them. There is one ground at issue here, Your Honor; it is a ground of obviousness based on Halbert in view of Amidi. There's also really only one claim element in play which the parties have referred to as a selectively allowed limitation. What I'd like to do first is very briefly do an overview of the patent and the prior art, and that limitation, and then move on to some other things.

JUDGE MOORE: And I understand you weren't prepared -- and this maybe out of left field, but only because I was on it -- I know that a reset, remand came back on a term limitation selectively, electrically isolating which, of course, as you've mentioned, is not in controversy here but does exist in this case; and so, again, if you know, is there anything about the Fed Circuit's ruling of that limitation that would have an effect on what we're doing here?

MR. MICALLEF: No, I don't think so. I don't think that there's been any issue

1 raised that would affect this hearing. Of course, I believe the Board has actually issued
2 an opinion after that remand.

3 JUDGE MOORE: Right. Yes, a remand opinion went out.

4 MR. MICALLEF: I don't think that affects us here.

5 JUDGE MOORE: Okay. And Patent Owner, do you agree, and once again, I
6 understand you may not be aware of this issue and it wasn't brought up in any papers or
7 anything in this, but just if you know?

8 MR. ARJOMAND: Your Honor, I don't believe those remand decisions impact
9 the hearing today. There's no load isolation in the 185 Patent.

10 JUDGE MOORE: Right.

11 MR. MICALLEF: Thank you, Your Honor.

12 JUDGE MOORE: And I didn't mention, we have, I think, 30 minutes per side;
13 so, do you want to reserve some time for rebuttal?

14 MR. MICALLEF: I'd like to reserve 10 minutes, Your Honor; and perhaps more
15 if I finish my presentation.

16 JUDGE MOORE: 10 minutes, okay. Right; you'll get all the time that you have
17 remaining, but I will make you aware when you are approaching your 10 minutes that
18 you plan to hold.

19 MR. MICALLEF: Great; thank you. So, the 185 Patent, Your Honor, issued
20 from a patent application filed in 2010 -- it was a CIP of an application filed in 2009.
21 They've claimed priority back to 2009. It states that the problem in the prior art -- there
22 was a problem because of the increased number of memory devices that were being used
23 that increased the resistive and capacitive load on the memory bus leading to some signal
24 propagation issues. The 185 Patent proposes to solve this problem by placing a number
25 of circuits in the data path between the memory devices; and the system memory
26 controller refers to it variously as load reducing circuits or load reducing switching
27 circuits.

28 I'm on slide 8 of our slides, our demonstratives. In Figure 5 of the Patent there's
29 sort of a blowout of these -- a diagram of one of these switching circuits; and you can see
30 that in the middle of this diagram there is -- and we've highlighted in yellow -- a
31 multiplexer 508, that selects between memory ranks. And we've shown on this slide just

1 sort of the selection of the data path towards and away from memory ranks a to c, and not
2 memory ranks b to d and, of course, the multiplexer could select in the opposite way.

3 Here on slide 9, I've placed what we have been referring to as the selectively
4 allowed limitation and I think it's important to just stop here for a second because the
5 words of the claim actually do matter, obviously; and what this claim element says is that
6 each circuit of the plurality of circuits is configured to selectively allow data transmission
7 between the system memory controller and at least one memory device, and then it goes
8 on. But why I want to stop on it is to point out what's not in this claim language. It does
9 not require a multiplexer. In fact, it does not require any specific circuitry whatsoever.
10 The Patentee chose to draft this particular element of the claim in terms of generic
11 circuitry -- a plurality of circuits -- configured to perform certain functionality. So, that's
12 the claim language that's at issue in this proceeding right here.

13 Now, the Board instituted on Halbert in view of Amidi -- so, let me very briefly
14 talk about Halbert and Amidi. Halbert's a prior art patent 102B, issued in 2006. It
15 discloses a memory module -- I'm here on slide 12. For example, in Figure 7 of Halbert
16 shown on this slide, a number of memory devices 140, a plurality of interfaced circuits
17 here -- left interface circuit 125 and R interface circuit 130 in the data path -- controlling
18 communication with these memory devices. Halbert also has a blowout of his interface
19 circuits -- this is slide 13, and here we've annotated Figure 4 of Halbert -- and you can see
20 in this fit circuit, again in the middle, there is a multiplexer which selects between either
21 the path to the upper rank, which I think is rank 140 of memory devices, and that's shown
22 on the left, or the path on the right which is, I think, rank 142.

23 Now we argued in the petition that this satisfied the claim element that we're
24 talking about today. The Board declined to institute on that; and, instead, found that
25 Amidi taught that claim element. And so -- I'm at slide 14; let me just talk about Amidi
26 very briefly. Published patent application in 2006 -- again, that's 102b prior art . It also
27 discloses a memory module. In this memory module though there is controlled circuitry
28 that permits what has been referred to as chip select emulation functionality. In the
29 system disclosed in Amidi, the system memory controller would provide two chip select
30 signals which would permit the activation of one or two memory ranks. Amidi says you
31 could instead take those chip select signals -- and, I think, on the next slide, slide 16, it

Explore Litigation Insights

Docket Alarm provides insights to develop a more informed litigation strategy and the peace of mind of knowing you're on top of things.

Real-Time Litigation Alerts



Keep your litigation team up-to-date with **real-time alerts** and advanced team management tools built for the enterprise, all while greatly reducing PACER spend.

Our comprehensive service means we can handle Federal, State, and Administrative courts across the country.

Advanced Docket Research



With over 230 million records, Docket Alarm's cloud-native docket research platform finds what other services can't. Coverage includes Federal, State, plus PTAB, TTAB, ITC and NLRB decisions, all in one place.

Identify arguments that have been successful in the past with full text, pinpoint searching. Link to case law cited within any court document via Fastcase.

Analytics At Your Fingertips



Learn what happened the last time a particular judge, opposing counsel or company faced cases similar to yours.

Advanced out-of-the-box PTAB and TTAB analytics are always at your fingertips.

API

Docket Alarm offers a powerful API (application programming interface) to developers that want to integrate case filings into their apps.

LAW FIRMS

Build custom dashboards for your attorneys and clients with live data direct from the court.

Automate many repetitive legal tasks like conflict checks, document management, and marketing.

FINANCIAL INSTITUTIONS

Litigation and bankruptcy checks for companies and debtors.

E-DISCOVERY AND LEGAL VENDORS

Sync your system to PACER to automate legal marketing.